

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**IN THE CIRCUIT COURT OF THE SIXTH JUDICIAL CIRCUIT OF  
THE STATE FLORIDA, IN AND FOR PASCO COUNTY  
CASE NO. CRC14-0216CFAES**

STATE OF FLORIDA,  
Plaintiff,

vs.

CURTIS J. REEVES,  
Defendant.

\_\_\_\_\_ /

PROCEEDINGS: TESTIMONY OF ANTHONY IMEL

DATE: December 16, 2021

BEFORE: The Honorable Kemba Lewis  
Circuit Court Judge

PLACE: Robert D. Sumner Judicial Center  
38053 Live Oak Avenue  
Dade City, Florida 33523

REPORTED BY: Charlene M. Eannel, RPR  
Court Reporter

Pages **1 - 79**

**A-P-P-E-A-R-A-N-C-E-S**

APPEARING ON BEHALF OF  
THE STATE OF FLORIDA:

Glenn Martin, Assistant State Attorney  
Office of Bernie McCabe, State Attorney  
Pinellas County Judicial Center  
14250-49th Street North  
Clearwater, Florida 33762

APPEARING ON BEHALF OF  
THE DEFENDANT: CURTIS REEVES  
Richard Escobar, Esquire  
Dino Michaels, Esquire  
Matthew Funderburk, Esquire

ESCOBAR & ASSOCIATES  
2917 W. Kennedy Blvd.  
Suite 100  
Tampa, Florida

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

INDEX OF PROCEEDINGS

PAGE

ANTHONY IMEL

Cross-Examination by Mr. Escobar 78

Certificate of Reporter

1 P-R-O-C-E-E-D-I-N-G-S

2 (The following witness testimony was transcribed  
3 per request of Counsel.)

4 \* \* \* \* \*

5 MR. MARTIN: I don't have any further questions.

6 THE COURT: All right. Thank you.

7 Cross-examination.

8 **CROSS-EXAMINATION**

9 BY MR. ESCOBAR:

10 Q. Good afternoon.

11 A. How are you doing, sir?

12 Q. I'm doing good.

13 MR. ESCOBAR: Judge, just so the Court knows, I  
14 am not going to go through a lot of his background.  
15 I'm sure you've read the deposition that I took of  
16 him, 400-and-some pages. So we've had -- we've had  
17 some time together. I just want the Court to know,  
18 I'm going to try to cut this as short as I can  
19 because you're able to read just like I am on the  
20 transcript.

21 BY MR. ESCOBAR:

22 Q. Now, Mr. Imel, you said that you had some  
23 degrees, one in criminal justice; is that correct?

24 A. I have two -- well, one criminal justice  
25 associate's degree, and a bachelor's degree in criminal

1 investigations.

2 Q. What is criminal investigations?

3 A. So it was a new bachelor's degree program, and  
4 it was designed less in the managerial section where most  
5 of your criminal justice degrees are, and more in the  
6 action.

7 So during that time period, I was a tech agent,  
8 I was a superintendent of an office in Texas where we took  
9 care of investigations in five different states, seven  
10 major cities.

11 Q. Uh-huh?

12 A. So my direction of law enforcement and my degree  
13 lined up with that capability much more than the  
14 management side. So I enjoy going out dealing with  
15 technique (indiscernible) measures, dealing with  
16 surveillance.

17 Q. Those techniques that --

18 A. Electronic surveillance was my background. It  
19 is my -- that is my forte, you could say.

20 Q. Now, you work for a department that's called the  
21 Digital Evidence Department?

22 A. The Digital Evidence Laboratory. Within there,  
23 I work for the forensic audio, video, and image analysis  
24 program.

25 Q. Okay. So for that particular program, tell me

1 the different levels that you have of individuals that  
2 work in that program and what their relative job  
3 descriptions are?

4 A. Right now, we are -- presently, we are down to  
5 two sets of individuals. We have one individual who is an  
6 audio examiner.

7 Q. Okay.

8 A. Then we have, I believe, several different --  
9 six of us, I believe, that are photographic technologists.

10 Q. Okay. Do you have any engineers?

11 A. There are some engineers. So our audio  
12 individual is an engineer. Then my supervisor who is the  
13 leader or the senior supervisor is an engineer. Then we  
14 have a senior scientist who has several Ph.Ds. He's  
15 worked for NASA and a couple of other places.

16 Q. So what does your supervisor and these other  
17 scientists do in reference to your laboratory? What are  
18 their functions?

19 A. A lot of it is research and development. So  
20 they research different software programs. We're into --  
21 so back in 2014, a lot of us -- or a lot of the laboratory  
22 was just enhancement, straight enhancement. That's,  
23 actually, been pushed out to the field now.

24 So we are left with senior scientists and  
25 examiners such as myself that deal mainly in opinionated

1 testimony.

2 Q. Is that because of budget cuts or?

3 A. Increasing the manpower out in the field, giving  
4 them more training. The turnaround is a lot quicker. We  
5 used to -- with 20 people, run close to 1,000 -- between  
6 700 to 1,000 cases out of the laboratory.

7 Some of them were quick turnaround. Some of  
8 them we had, like, 1- or 200 cases of backlog. We've sent  
9 most of that out in the field and we're concentrating on  
10 murders, rapes, kidnappings, terrorism, the higher impact  
11 cases.

12 Q. Gotcha. Now, I reviewed your CV, and I see that  
13 you have not published any work yourself?

14 A. No, sir. Most of my work is directly with  
15 casework. I work the highest number of cases in the  
16 laboratory. I testify the most. I'm on the road the  
17 most. I deal with the infrastructure, the computers, the  
18 programs, the hot cases that are coming in, the priority  
19 cases, such as the Boston bombing, the Pulse Club, the  
20 ISIS beheadings, stuff like that.

21 Q. So is it because you're too busy, you haven't  
22 published; is that what you're telling me?

23 A. That is not my area of expertise.

24 Q. And do you ever review published material,  
25 especially that's been peer-reviewed?

1 A. I do, yes.

2 Q. Why is it important for published material to be  
3 peer-reviewed?

4 A. You get different points of view from different  
5 individuals in that forensic analysis or that forensic  
6 capability.

7 Q. So it's a testing process to see if the  
8 individual that is writing the paper has the agreement of  
9 other experts in the field, correct?

10 A. It's the give and take. Let me put it that way,  
11 it's a give and take. You have some people that disagree  
12 just to disagree. Some people add some information. It  
13 is a process to where, you know, you put your IDs out  
14 there and see what people -- you know, how people affect  
15 with it, and if you're on the right track.

16 Q. But you would agree just because you publish a  
17 paper it doesn't mean that you are accurate, right, or  
18 correct?

19 A. True.

20 Q. And you have a lot of people out there that want  
21 to publish, right?

22 A. I guess. I don't know a lot of people.

23 Q. And may not have the background or the expertise  
24 to get it right in that published paper?

25 A. I won't know. Again, I don't write the papers.



1 I review them every once in a while.

2 Q. Well, have you ever read some published papers  
3 that you think to yourself, Ha, this person has it wrong.  
4 I don't know what he's talking about. He's got it  
5 completely wrong. You've never done that?

6 A. I haven't seen any papers that are completely  
7 wrong, no.

8 Q. Okay. You're testifying a lot in courts of law  
9 throughout the country?

10 A. Yes, sir.

11 Q. How many times have you testified as an expert?

12 A. Again, I lost count. About 45 to 50.

13 Q. 45 to 50?

14 A. Yes.

15 Q. Of those, in what particular area have you been  
16 found competent to testify?

17 A. Well, video enhancement, image comparison, image  
18 enhancement, height determinations, authenticity,  
19 retrieval of information from the media, such as metadata.

20 Q. In those 45 to 50, how many in video  
21 enhancements?

22 A. Most of them are tied directly to video  
23 enhancement or image enhancement.

24 Q. So most would be?

25 A. All.

1 Q. All of them?

2 A. Yes.

3 Q. And in all of them you've used this Bicubic  
4 Smoother?

5 A. No, not all of them.

6 Q. Okay. In what percentage of those have you used  
7 Bicubic Smoother?

8 A. I wouldn't have a guess. It really depends on  
9 the case. Again, with extraction of metadata, I wouldn't  
10 have to do an enhancement as far as increasing the size.  
11 It's more of an analyzation of the media itself to see the  
12 interaction of objects, places, and persons within the  
13 video.

14 The output objects would be negligible. During  
15 the process, I might resize it, as far as a viewing  
16 process, but I wouldn't produce anything.

17 Q. Well, let me ask you: Is resizing actually an  
18 enhancement?

19 A. Yes, sir.

20 Q. It is?

21 A. Yes.

22 Q. So you would classify that as an enhancement?

23 A. Yes. You're enhancing the video from its  
24 original state.

25 Q. Okay. Now, before I get too far away, because

1 I'll forget, you were trying a bit earlier to find one of  
2 the videos that had been pointed out by -- one of the  
3 images, I should say, that had been pointed out by the  
4 prosecution as now not being in the proprietary software  
5 and, for some reason, you couldn't find?

6 A. Correct. I'm looking over that. So the initial  
7 video that I processed back in 2015, that was utilized on  
8 a Windows 8 computer. This is now a Windows 10 computer,  
9 so some of the algorithms probably have changed, or some  
10 of the process had changed.

11 I need to go through and make sure that when I  
12 reestablish that database that it did, in fact, select all  
13 of them.

14 Q. But wait a minute. You were viewing the  
15 proprietary system. That's not supposed to change.  
16 That's the raw data in its proprietary format, right?

17 A. Correct. And as I stated before, there's a  
18 process where you reestablish the database and something  
19 must have happened in there and a video must have slipped  
20 and not reconnected. So I will go back to my office and  
21 double-check to find where that problem was.

22 Q. A video must have slipped? What does that mean?

23 A. So the disconnect on the process in which they  
24 have to rebuild the database. Again, I'm just thinking  
25 that is the only possible idea is that it didn't reconnect

1 when I ran this through two nights ago.

2 Q. I'm getting a little bit confused. You've got  
3 the original hard drive, right?

4 A. Correct.

5 Q. You're using the proprietary software from the  
6 DVR?

7 A. Correct.

8 Q. And somehow you're telling me that by using what  
9 was supposed to be used to view the video, you're missing  
10 an image?

11 A. What I'm telling you is that the repair  
12 functionality that I have stated before probably didn't  
13 find that video. So I will have to go back through and  
14 find out what happened.

15 Q. Well, repair --

16 A. Correct.

17 Q. -- the process?

18 Well, do you know how that process even works,  
19 the repair process?

20 A. As I've stated before, that I'm not a computer  
21 engineer. I didn't write the program. I'm going by the  
22 manual that says repair the database, establishing that  
23 link between the database itself, the raw video, and  
24 linking it up with the proprietary.

25 Q. Do you know who even wrote the manual?

1           A.    That would be someone, I believe, over in  
2 Thailand.

3           Q.    Do you know the competency of that person that  
4 wrote the manual?

5           A.    No, I don't.

6           Q.    Do you know the educational background of the  
7 person who wrote the background?

8           A.    No, sir.

9           Q.    So let's talk a little bit about the beginning  
10 of your involvement in this case.

11          A.    Yes, sir.

12          Q.    It's my understanding that you never went to the  
13 Cobb Theater; is that correct?

14          A.    Not in a professional capacity in dealing with  
15 this case directly. I've been there for a movie the last  
16 time I was there.

17          Q.    Do you know what theater you actually saw that  
18 movie?

19          A.    No.

20          Q.    Do you know what theater this was at the time?

21          A.    I don't recall.

22          Q.    Do you know if the theaters are even similar or  
23 even alike in the one that you went to?

24          A.    Not that I recall. No, sir.

25          Q.    Okay. So at no point in time did Mr. Martin or

1 anybody ask you to come over, let's go to the Cobb  
2 Theater, let me show you around, let me show you where the  
3 cameras were, let me show you where the DVRs were?

4 A. No, sir. Typically, I don't get involved with  
5 the extraction process in a state case. That's usually  
6 passed on to me later as it was.

7 Q. In a state case?

8 A. Yes.

9 Q. But I think you talked about the Boston bomber  
10 case that you actually went in to assist in actually  
11 retrieving the DVRs?

12 A. The FBI took over that case and I was sent up  
13 there by the FBI to help process and locate the  
14 individuals.

15 Q. Did you actually take the DVRs?

16 A. Not in the -- not the DVRs. I extracted media  
17 from the DVRs in a couple of instances, yes.

18 Q. Why would you do that?

19 A. Because I was requested to help assist in the  
20 investigation.

21 Q. Okay. So that's the appropriate steps to take,  
22 right?

23 A. In that instance.

24 Q. You go out there, you, as an expert, and you  
25 extract what you need to extract in order to preserve

1 evidence, right?

2 A. In that instance.

3 Q. Okay. When the prosecution first reached out to  
4 you, you mentioned on direct that there was some problem  
5 that they were experiencing with another expert that they  
6 had hired in order to do the same thing that you were  
7 going to do?

8 A. I believe that the local police had engaged an  
9 individual who had some background in computer  
10 investigations. Then the initial extraction was, in my  
11 mind, correct where the police officers went in -- or the  
12 officers went in and extracted the video on a thumb drive.

13 At one point or another, the hard drives were  
14 extracted and dropped off at the prosecution's office, I  
15 believe it was, and then that was taken over by some  
16 officer.

17 Q. No. I'm talking about the problems that they  
18 were having with this individual that was trying to do the  
19 same work that you did. Did you speak to Mr. Martin or  
20 did you speak to some other prosecutor?

21 A. Not the prosecutor. I talked to the case agent.

22 Q. Okay. I've got your transcript here.

23 And what was that discussion about?

24 A. He had said that the individual was trying to  
25 recreate or rebuild the database from an open computer. I

1 stipulated that is not exactly the way it should be done  
2 and they asked me to help.

3 Q. They were concerned what had been done by their  
4 own expert?

5 A. I wouldn't call him an expert. It was the  
6 individual who claimed that he could actually do the work,  
7 yes.

8 Q. And they were concerned that he wasn't able to  
9 do that?

10 A. Correct.

11 Q. And so what items, in particular, did this agent  
12 tell you they had given this other expert that may have  
13 not known what he was doing?

14 A. A box full of hard drives.

15 Q. A box full of hard drives? Were those the  
16 original hard drives that were in the DVR at the Cobb  
17 Theater?

18 A. I believe he stated that, but that was -- I  
19 wasn't involved with that part.

20 Q. Did they -- did this agent tell you what that  
21 expert did with these hard drives?

22 A. They tried to stick them into a computer and  
23 start it up.

24 Q. Can that be a big no-no?

25 A. For computer forensics, yes.



1 Q. And did this agent indicate to you what happened  
2 when this so-called expert tried to do that?

3 A. He relayed that, I believe, he wasn't able to  
4 access any of the media or the DVR or anything  
5 operationally and that's when, I believe, I was called.

6 Q. Let's talk about the number of hard drives,  
7 because that's kind of important.

8 How many hard drives were delivered to this  
9 so-called expert that they had some doubts over?

10 A. I'm not sure, sir. I wasn't part of that  
11 examination.

12 Q. Well, did you call the prosecutor? Did you ask  
13 anybody about the specifics? I mean, all these hard  
14 drives have numerical identification numbers, correct?

15 A. Again, I'm not -- that wasn't part of my  
16 investigation. That was outside the scope of my  
17 examination.

18 Q. Okay. So what did you tell the prosecution you  
19 wanted from them now that these hard drives, I guess, had  
20 been retrieved back from this local sheriff somewhat  
21 expert?

22 A. By that time when I was talking to the case  
23 agent, I had already received several thumb drives and an  
24 external hard drive.

25 Q. Several thumb drives, and one external hard

1 drive?

2 A. Yes.

3 Q. Do you know how many hard drives were retrieved  
4 by law enforcement in this case?

5 A. I do not.

6 Q. You never asked?

7 A. No, sir.

8 Q. Were you aware that the night of this particular  
9 alleged incident that law enforcement did not secure  
10 either the DVR nor the hard drives at the Cobb Theater?

11 A. I believe the Export, the initial Export,  
12 grabbed the camera views that was required during that  
13 time.

14 Q. You believe that what?

15 A. The Export. So the best practices export is not  
16 to take the DVRs, especially in this type of a system, or  
17 secure the DVRs. It is to export the video of those areas  
18 of interest that are required to view and identify the  
19 actions of what happened that night.

20 Q. Who told you that they did that?

21 A. That was what I received.

22 Q. Well, but who -- who told you that they had done  
23 that there the night of the incident?

24 A. I believe it was the case agent.

25 Q. So if, in reality, that didn't happen, and what

1 happened was that these hard drives were removed in the  
2 middle of the night by the IT person for the Cobb Theater  
3 and driven to Alabama, no one explained that to you?

4 A. Again, I was told that the hard drives were  
5 removed and dropped off with someone else.

6 Q. Well, but they also told you that before that  
7 happened, that somehow they put these thumb drives and  
8 they preserved those images in the thumb drives? That's  
9 what they told you?

10 A. They said that through the best practices, they  
11 located the videos that were required in this incident and  
12 exported them out into an exe export file through the  
13 proprietary player.

14 Q. The night of the incident?

15 A. I believe that's what I was told.

16 Q. What agent told you that? What detective told  
17 you that?

18 A. I would have to look at my case file.

19 Q. Please. Please. I certainly don't want you not  
20 to have the ability to do that.

21 A. If I recall, this is many years later, it was a  
22 James Bucenell. B-U-C-E-N-E-L-L.

23 Q. Okay. Well, you wrote some notes down, right?

24 A. I wrote some notes?

25 Q. Yeah, on that issue. That was the person that

1 told you that?

2 A. It was a conversation of what happened  
3 previously, but --

4 Q. But you noted that he was the one that told you  
5 that?

6 A. That was the case agent who actually sent in the  
7 case.

8 Q. Okay. So you get one hard drive; is that  
9 correct?

10 A. Yes, sir.

11 Q. And you were, I guess, told there was only one  
12 hard drive?

13 A. No, sir.

14 Q. Did you not want all of the hard drives?

15 A. No, sir.

16 Q. Why not?

17 A. I typically don't.

18 Q. Why not?

19 A. I expect to get the video that is required to  
20 show the incident. So in these large format DVRs and the  
21 establishments you probably have multitude of cameras all  
22 over the place.

23 Q. Uh-huh?

24 A. Typically, the best practice is, instead of  
25 collecting thousands and thousands of hours or months and

1 years' worth of video, you could research and view the  
2 active action that happened that night from the recorded  
3 video. And most of your proprietary videos or proprietary  
4 players allow for an export to a thumb drive or a DVD or a  
5 CD of some sort. Then they export what is required for  
6 that case.

7 Q. Okay. So let's try to get some identifying  
8 features of the items that you received.

9 One hard drive. Was there any type of  
10 information on the exterior of that hard drive to identify  
11 that hard drive?

12 A. I don't recall.

13 Q. But I'm sure you wrote it down in your notes.  
14 It's certainly best practices to identify the items that  
15 you're getting to review, correct?

16 A. Hard drive. Toshiba. 1B3E5722558.

17 Q. Is that the serial number of that particular --

18 A. No, sir. That was the item number that I  
19 received. So the FBI puts on an identifier number on  
20 that.

21 Q. Was there any type of numerical number or any  
22 identifying feature on the hard drive itself that came  
23 from the manufacturer when you pulled the hard drive out?  
24 Did you try to look to see if, in fact, there are any  
25 identifying numbers on the hard drive that you could

1 memorialize to show this is what you've got?

2 A. I kept with the identification numbers that were  
3 received through the FBI.

4 Q. Through the FBI?

5 A. Correct.

6 Q. And I guess there's a process. It comes to the  
7 FBI, gets put in evidence, it gets properly packaged, and  
8 that sort of procedure; is that correct?

9 A. Yes, sir.

10 Q. Okay. In addition to that, you've got you  
11 said -- and that was an original hard drive from the DVR?

12 A. No, sir.

13 Q. That was not an original hard drive from the  
14 DVR?

15 A. No, sir.

16 Q. Okay. So where and how was that hard drive  
17 produced?

18 A. I would say that the individual from the  
19 computer crime -- or the computer investigations took the  
20 hard drive, extracted those two hard drives, the video  
21 drive, and the operational drive, and sent it to me.

22 Q. What do you mean you would say? You don't know?

23 A. No, sir. I wasn't involved in the collection  
24 process in this case.

25 Q. Did you not ask?

1           A.    I was not involved in the collection process in  
2 this case.  I was told this is the video.  The contents of  
3 the video that are required for this case are contained on  
4 this hard drive and the two thumb drives.

5           Q.    You recognized when you examined this hard drive  
6 pretty quickly that it was a clone?

7           A.    There were portions of a clone on there, yes.

8           Q.    Portions of it being a clone?  What does that  
9 mean?

10          A.    It appeared that there was an operational drive  
11 and a single video drive on there.

12          Q.    Okay.  So what did that tell you?

13          A.    That it was two hard drives -- possibly two hard  
14 drives that were cloned on there that that contained the  
15 video and the imagery that I required for the case.

16          Q.    And how are those two cloned into that one hard  
17 drive?

18          A.    Cloned through computer cloning software brought  
19 into a -- I believe it is an L01 -- let me make sure here.  
20 So I used FTK Imager and loaded two drive images -- or two  
21 image drives contained on the hard drive and extracted the  
22 images of the drive.

23          Q.    But my question is:  How do you know that they  
24 were cloned?  What gave you that impression?

25          A.    They were -- it was just the file content.

1 Q. But what of the file content indicated to you  
2 that it was cloned?

3 A. It is the file format is in a clone format.

4 Q. So what is the file format in a clone format?

5 A. I believe it was an E01 file, but I would have  
6 to go back and look at that.

7 Q. Go ahead. You've got the notes. I'm all ears  
8 here.

9 A. It was listed. It was in plain english.

10 Q. It said "clone" on it?

11 A. No. When I do this, I extracted it, and then  
12 it, I guess, rebuilt the hard drive so I could view the  
13 information on the clone.

14 Q. What do you mean you guess it rebuilt the hard  
15 drive? That's a scary one.

16 A. So I extracted the cloned hard drive in its  
17 proprietary format into -- back to the original format  
18 using FTK Imager.

19 Q. How do you know you did that correctly?

20 A. Because I used the software to uncompress the  
21 format.

22 Q. And what do you know -- what software did you  
23 use?

24 A. FTK Imager.

25 Q. So how did that work?



1 A. It took the E01 file and uncompressed it.

2 Q. So --

3 A. It, basically, revised it, takes it out of the  
4 E01 file, and returns it back to the original state.

5 Q. Okay. So that gave you the impression that  
6 somehow now you had a clone?

7 A. I had the software or the image that they sent  
8 me, yes.

9 Q. Well, let me ask you, when you're cloning a hard  
10 drive, do you always get it right, or can you have  
11 mistakes and problems?

12 A. I have yet to have a mistake or a problem when I  
13 clone a hard drive.

14 Q. Well, I'm sure -- I'm not talking about you. Is  
15 that something that can happen, that when you are cloning  
16 a hard drive there are mistakes that --

17 A. There are times when a hard drive fails, yes.

18 Q. Any other issues other than the hard drive  
19 failing?

20 A. So normally when we clone a hard drive, we do a  
21 hash value prior to, and then copy the hash values all the  
22 way --

23 Q. That's when you do the cloning?

24 A. Yes.

25 Q. And so if you don't do that hash value

1 comparison, then you don't know if you've got everything,  
2 right?

3 A. Correct.

4 Q. So tell me what you did in reference to this  
5 particular cloned hard drive to make sure that everything  
6 that was on that cloned hard drive was on the original --

7 A. You would have to ask --

8 Q. -- hard drive in the DVR that was extracted?

9 A. You would have to ask the individual who  
10 initially did that, sir.

11 Q. You didn't get any hash values in order for you,  
12 yourself, to determine what you were about to work on?

13 A. I received the clone. The clone copy which I  
14 hashed internally, and then ran it from that point on.

15 Q. You don't think the proper procedure for the FBI  
16 lab is to get the hash values of the original hard drive  
17 and match it up with what you're seeing on the clone?

18 A. That is outside the scope of that investigation,  
19 but I received a cloned copy from the individual.

20 Q. Okay. So tell me why it is that you have one  
21 cloned hard drive and two thumb drives? What's the  
22 purpose of that?

23 A. That's what I was sent. That's what I received.

24 Q. Yeah, but did you look at it and say, Wow, I've  
25 got one cloned hard drive. Why am I having these two

1 thumb drives?

2 A. The case agent told me that those two hard -- or  
3 the two thumb drives where they exported video directly  
4 from the proprietary that night.

5 Q. That's what he told you?

6 A. Yes.

7 Q. And so if that was a lie, you would be very  
8 disappointed?

9 A. I have no reason to think he was lying.

10 Q. That's not my question. If that was a lie, you  
11 would be very disappointed, correct?

12 A. Again, I have no reason to think that he was  
13 lying to me.

14 Q. Well, did you ever speak to Mr. Martin and say,  
15 Mr. Martin, did they take anything that night from that  
16 hard drive, from that DVR? Did you ever ask him?

17 A. As far as I understand, they extracted video  
18 through a thumb drive at the site. Later on, they cloned  
19 hard drives and gave me the relative video that was  
20 included in this night. That is my answer.

21 Q. I will tell you, Mr. Imel, there was no thumb  
22 drives extracted the night of this incident or the next  
23 day of this incident.

24 A. Okay.

25 Q. Until those hard drives were found in Alabama.

1 MR. MARTIN: Your Honor, I'm going to object to  
2 Mr. Escobar is testifying. He's not asking  
3 questions.

4 MR. ESCOBAR: My apologies. He's correct.

5 THE COURT: I will sustain the objection.

6 BY MR. ESCOBAR:

7 Q. Okay. So now you have a cloned hard drive and  
8 you have two thumb drives?

9 A. Yes, sir.

10 Q. And you've been asked -- I think there were  
11 three things that they asked you to do; am I right?

12 A. Three examinations.

13 Q. There were so many notes during that first --  
14 one was enhancement?

15 A. Correct.

16 Q. Right? The other one, more enhancement?

17 A. Correct.

18 Q. So let's talk about that. We've seen the  
19 proprietary video here, right?

20 A. As much as we can right now, yes.

21 Q. Well, not this one. I'm talking about when we  
22 had it up?

23 A. Yes, sir.

24 Q. You can see there's nothing wrong with it,  
25 right?

1 A. Other than that missing file, correct.

2 Q. Yeah. I'm talking about the clarity?

3 A. Yes.

4 Q. It was clear. You could see it. The Court  
5 could see it. We could see it. Mr. Martin could see it.  
6 Everybody here could see it, right?

7 A. Yes, sir.

8 Q. What did Mr. Martin need to enhance?

9 A. Again, most of the enhancements are extracted  
10 from the proprietary, resized, do some lightening.

11 Q. That's not my question. If he's asking you to  
12 enhance it --

13 A. Yes.

14 Q. -- there had to be some direction of, Hey, I  
15 want it enhanced because I want to see this or I want to  
16 see that. Tell me what it was that they told you they  
17 needed you to enhance since it was so clear? You agree?

18 MR. MARTIN: Your Honor, I'm going to object.  
19 That's my work product.

20 THE COURT: As to the statement -- as to -- I'm  
21 going to sustain the objection as to the statement as  
22 to what was it that Mr. Martin told you in that  
23 capacity.

24 MR. ESCOBAR: Your Honor, once it leaves his  
25 mouth to him saying, This is what I want you to do in

1 this case, there is no work product. That is over.

2 THE COURT: But the question that you asked  
3 him -- you could rephrase the question as to the  
4 question in regards to what was it that he was  
5 enhancing, which I haven't heard the response to that  
6 question yet.

7 BY MR. ESCOBAR:

8 Q. So what were you going to enhance?

9 A. So during this case I identified several videos  
10 that were within both the proprietary and external  
11 proprietary in the ENT files. During this case, I put  
12 together what they call a timeline that shows the actions  
13 of the defendant, and the actions of the victim, and their  
14 interaction during this case.

15 Q. A timeline, okay. So a timeline is really not  
16 enhancing the actual image. It's just providing a  
17 timeline?

18 A. Well, the timeline is the final product of an  
19 enhancement, yes, sir.

20 Q. Meaning, that there's a timeline that you're  
21 creating for the activity that is seen on the video?

22 A. Yes, sir.

23 Q. Okay.

24 A. So extracting it out of the proprietary player  
25 is part of an enhancement. That is part of the forensic

1 process that I used at the time.

2 Q. Okay.

3 A. Taking those into an open format is part of my  
4 enhancement. Increasing the size, putting them in line,  
5 making sure that we can see those, making sure the start  
6 and stop is there, that is part of my enhancement exporting  
7 it out.

8 The content in a strict enhancement, what is  
9 happening in this content, I have no opinion to. So an  
10 enhancement is an enhancement. The first two cases were  
11 nothing more than enhancements. I was asked to, on  
12 several occasions, do a side-by-side with the blue screen,  
13 with the regular screen, with the unfiltered regular  
14 video, individual cameras, and I exported those as needed.

15 Q. Your first enhancement, let's talk about that.  
16 Please tell me how you performed that first enhancement?

17 A. As far as the exporting of the video?

18 Q. I want to know all the details. I want to feel  
19 like I'm right there with you.

20 A. Okay. So I received three items.

21 Q. Uh-huh.

22 A. I reviewed the evidence.

23 Q. What do you -- what does that mean, I reviewed  
24 the evidence?

25 A. So I went through every camera view that I could

1 possibly find to see if it was relevant to the case or not  
2 relevant to the case.

3 Q. How many camera views did you examine?

4 A. One second, please.

5 Q. Sure.

6 A. 135 camera views.

7 Q. So what does that mean, a camera view?

8 A. Independent camera view tied to the system.

9 Q. Meaning that there were 130 -- how many did you  
10 say?

11 THE COURT: 135. I'm listening. I'm right up  
12 there with you.

13 BY MR. ESCOBAR:

14 Q. So 135 camera views. Does that mean that there  
15 were 135 cameras?

16 A. No, sir. So the 135 camera views that I found  
17 in the information that was sent to me.

18 Q. From how many cameras?

19 A. We've, I've got 135 camera views.

20 Q. From how many cameras?

21 A. I don't know that number.

22 Q. So you don't know of the 135 camera views which  
23 views came from which cameras?

24 A. No, sir, other than what I received.

25 Q. Why would you not know that?



1           A.    I'm not an investigator.  I'm a forensic  
2 examiner.  I enhance what is given to me.  So the  
3 investigative part is on the shoulders of the sheriffs,  
4 and the FBI agents.

5           Q.    How do you view this camera view?  Just tell me  
6 the process that you used in order to view these 135  
7 camera views?

8           A.    How did I view the process?

9           Q.    Yeah.  You've got a hard drive and you've got  
10 two thumb drives.  Tell me how you did it.  Did you put a  
11 thumb drive in the computer and did you search?  I want to  
12 know how you did it.

13          A.    So on the thumb drives, I put them into a write  
14 blocker, copied them over to my hard drive, then reviewed  
15 each one of the files that were on there, then capture an  
16 image of each camera view.

17                   Then with the hard drive, I went through and I  
18 identified each one of the folders with the different  
19 camera views, and then captured the cameras.

20          Q.    When we're saying "camera view," I want to make  
21 sure that the Court and myself have a clear definition of  
22 what you're talking about.  Is this a video?  Is that a  
23 single image?  Is it a pixel?  What is it?

24          A.    It is a camera view.  It's videos.

25          Q.    Videos?

1 A. Yes, sir. I received videos --

2 Q. So the 135 camera views that you were talking  
3 about is 135 videos?

4 A. Well, no. There's multiple videos in each  
5 camera. As we've seen, some of those cameras are depicted  
6 in multiple AVI videos.

7 Q. Okay.

8 A. So they're grouped into AVI files, and each one  
9 depicts a certain camera view.

10 Q. And you're looking through every file?

11 A. I'm looking at those relevant to the case.

12 Q. And some were not relevant?

13 A. Correct.

14 Q. So some of the 135 were not relevant to the case  
15 because of what?

16 A. They didn't depict anything dealing with the  
17 incident at the Cobb Theater.

18 Q. Well, let's talk about that. You weren't at the  
19 incident, correct?

20 A. No, I was not.

21 Q. You never received any photographs of the scene  
22 that forensics took the night of this incident, correct?

23 A. Correct.

24 Q. Why not?

25 A. I didn't receive it. It's not part of my

1 examination.

2 Q. So how can you tell whether there is film that's  
3 relevant or not relevant if you don't know more about the  
4 investigation in this case?

5 A. I'm not an investigator, sir. I receive the  
6 evidence. I'm required or requested to do enhancements on  
7 that evidence. Then I return it.

8 Q. Okay. So you viewed those. What did you do  
9 with the 135?

10 A. I found the incidents that were required to view  
11 this case and then separated them from the rest of them.

12 Q. How many of those views did we have that were,  
13 in your opinion, relevant to this case?

14 A. One second, please.

15 MR. ESCOBAR: Your Honor, I promise I will be  
16 done by 5:00. I'm not going to be that long.

17 THE COURT: I'm not rushing you, sir.

18 BY MR. ESCOBAR:

19 Q. You're probably going to tell me 135.

20 A. Well, it's approximately 10, I believe.

21 Q. Okay. I just saw you flipping --

22 A. Yes. So, basically, I'm told the incident. I'm  
23 told which theater it is. I'm given a time and a date.  
24 Then I work backwards. So I see the individuals, and I'm  
25 going through the cameras, and I'm very easily known to go

1 backwards, and then follow those folks that are involved  
2 in this case.

3 Q. So 10, you think?

4 A. Yes, sir.

5 Q. Approximately?

6 A. Approximately.

7 Q. I'm not going to hold you. Maybe 11, maybe nine

8 A. It was eight years ago.

9 Q. Exactly.

10 So now you've got 10 views, right?

11 A. Yes, sir.

12 Q. So what do you do with those?

13 A. So I applied -- let me go back to the start  
14 here. I converted the videos from proprietary to open  
15 format.

16 Q. What does that mean?

17 A. I converted the videos from a proprietary format  
18 to an open format.

19 Q. What open format?

20 A. So using GV -- GV AVI to exe, that's one of the  
21 proprietary portions of this video, you can export or  
22 exchange the exe files into an AVI format.

23 Q. And so while you were doing that, I'm sure when  
24 you were looking at the views -- the 10 views, they were  
25 just as good as we saw here, right?

1 A. Some of them better than most --

2 Q. Okay.

3 A. -- are better than others.

4 Q. Okay. So why were you doing that? Why were you  
5 doing that conversion?

6 A. Because having it into a proprietary format is  
7 not useable in any of the forensic software that we have.

8 Q. It requires you to change?

9 A. Change the container, yes.

10 Q. Okay. So how does that process work? Another  
11 algorithm?

12 A. So I bring the software in. At this particular  
13 point, some of these files were either AVIs or exe files.  
14 Exe files are wrapped into an ENT format that was produced  
15 by the proprietary player.

16 Basically, it's an AVI file internal. When you  
17 hit the exe file, it goes out to your computer. It finds  
18 out what open format player plays the AVI file and opens  
19 it up in that. The video itself is dropped into a temp  
20 folder onto the computer and that is where your open  
21 format player plays the video and I extracted --

22 Q. Is that an algorithm process?

23 A. It is just a de-wrapper.

24 Q. Okay.

25 A. So that's part of the proprietary software. It

1 has nothing to do with that.

2 Q. So now you do that.

3 A. Okay.

4 Q. What is your next function?

5 A. Then I take -- so the AVI functionality,  
6 sometimes that doesn't work too well in Premier, so I  
7 changed the out -- or output into an MOV.

8 Q. Sometimes it doesn't work --

9 A. Yeah.

10 Q. -- well in Premier?

11 A. Yes.

12 Q. But did you first determine whether it worked  
13 well in Premier, or did you just assume that it wasn't  
14 going to?

15 A. I liked to work in MOVs at that time because it  
16 is a solid type of object, as far as encoders and  
17 decoders. So my work process during that point in time is  
18 to put it into a MOV format and then work --

19 Q. So now you're doing another conversion?

20 A. I converted the player or the bucket, as they  
21 would say. The video itself has not changed.

22 Q. Okay. Now, what is your next process?

23 A. Then for the timeline, I bring it into Adobe  
24 Premier.

25 Q. Okay.

1           A.    Start a project.  Design the project.  At this  
2 point, it was a 1920 by 1080.

3           Q.    1920.

4           A.    Yes.

5           Q.    By 1080.

6           A.    Yes.

7           Q.    What does that mean?

8           A.    That's resolution.

9           Q.    Okay.  I thought you indicated that the  
10 resolution on these particular images was really low,  
11 like, 320 by 240?

12          A.    That was the original imagery.  So we are  
13 talking video.  Apples and oranges.

14          Q.    Okay.  So we don't have to worry about in images  
15 it being 320 by 240?

16          A.    That has a completely different process.

17          Q.    Okay.  So now we've got this 1920 by 1080?

18          A.    Yes, sir.

19          Q.    What does that mean?

20          A.    That is the resolution of my project.

21          Q.    Okay.  That's what you're trying to achieve, or  
22 what it actually is?

23          A.    That is -- so, in dealing with video  
24 enhancement, we have the capability of processing these  
25 videos in one of two ways.  You can keep it in the

1 original resolution, and then we're looking at a very  
2 small video that most lawyers, most prosecutors, most  
3 defense individuals or defense lawyers will take it on the  
4 screen, hit full screen, then play it from that point, or  
5 resize, resize, resize.

6 Q. These are most lawyers?

7 A. Generally, every lawyer that I've seen that are  
8 operating my videos, that's what they do.

9 Q. Are you worried about forensics and the  
10 authenticity of the piece of evidence when you're doing  
11 this?

12 A. I am working on the evidence as I'm there.  
13 There's no authenticity problem because that's what I  
14 received.

15 Q. Well, if you're changing something, right?

16 A. I'm not changing something. I'm enhancing  
17 something. That is the reason why it's coming to me.

18 Q. We are going to get into whether you're changing  
19 or you're enhancing in just a quick second, but you're  
20 saying that the original resolution of that was what?

21 A. 320 by 240.

22 Q. And now you're wanting to increase it?

23 A. Yes.

24 Q. And you want to increase it for what reason?

25 A. For viewability capabilities.



1 Q. But you can view it in the resolution that it  
2 was in, though?

3 A. You can.

4 Q. And it was perfectly clear. We both saw it?

5 A. In this instance, yes. But when you start  
6 taking them into a timeline video, being able to enhance  
7 them, that's just my work project.

8 A. Okay.

9 Q. So now you're going to enhance it and correct me  
10 if I'm wrong, 1920 by 1080?

11 Q. That was my project.

12 Q. That was your project?

13 A. Yes.

14 Q. And so how do you get there? How do you get to  
15 that resolution?

16 A. It's built into the Adobe Premier project system  
17 that I select. I control that.

18 Q. This is where the algorithm comes in, right?

19 A. What algorithm?

20 Q. Yeah. Adobe Premier is an algorithm, right?

21 They have an algorithm that you don't know how that works?

22 A. It's a software program, yes, sir.

23 Q. And you don't know how that works, right?

24 You're not a computer engineer, right?

25 A. I didn't write it, no.

1 Q. So you don't know how that process takes place,  
2 right?

3 A. So the project --

4 Q. Listen to my question.

5 You don't know how that process takes place,  
6 correct?.

7 A. As a user, I do. As far as the functionality of  
8 what I'm doing from point to point to point --

9 Q. No. I'm talking about how the software  
10 itself --

11 A. I do not know the programing of the software. I  
12 do not write the software. I'm not a computer programmer.  
13 I did not process in C++. I did not get down into those  
14 weeds, no.

15 Q. In those weeds, you didn't check to see -- you  
16 had no capabilities of checking to see if in those weeds  
17 there are some errors?

18 A. No, sir. Other than a visual aspect or a visual  
19 check of my project on the export function to the original  
20 video, no, I don't.

21 Q. And you would agree that your vision, my vision,  
22 the Court's vision, the prosecutor's vision, we can see  
23 all different types of things sometimes when we are  
24 looking at something, right?

25 A. Yes, sir.

1 Q. Some better than others?

2 A. Yes.

3 Q. Okay. So you're using now Adobe Premier to do  
4 this resolution enhancement. Is that also called  
5 resizing?

6 A. Resolution enhancement?

7 Q. Yeah. You're going to 1920 to 1080, right?

8 A. That is just building the project.

9 Q. So that is just building the project?

10 A. Yes.

11 Q. Tell me what do you do from this point?

12 A. So then I lay the videos in place in a  
13 chronological order and then I place them in a way to  
14 where it is either full screen, side by side the way I  
15 want them onto the project. Then I apply my filters.

16 Q. Okay. So your filters. Tell me about those  
17 filters. Which one did you apply in this instance  
18 initially? Because, remember, you're taking me through  
19 the process as if I was right there on your shoulder.

20 A. So I imported the MOV videos into my project.  
21 Subject entry, Entrance 2, Customer Service, Theater Left,  
22 Theater Left Side, Subject Walks By, Theater Right Side,  
23 Right Side Customer Service Entrance, Theater Right,  
24 Theater Left Side.

25 Q. Mr. Imel, I think we were talking about the

1 filters.

2 A. I am. I'm getting there.

3 Q. Okay.

4 A. So I lay those videos down.

5 Q. Okay.

6 A. I'm going through it as you're sitting over my  
7 shoulder.

8 Q. Okay.

9 A. I start applying levels adjust on -- as the  
10 individual walks into the ticket collection point.

11 Q. Levels adjust? What are you adjusting and at  
12 what level?

13 A. Brightness.

14 Q. What's that?

15 A. Brightness.

16 Q. Brightness. Okay. So at this point in time,  
17 initially, you're going to do brightness?

18 A. Yes, sir.

19 Q. You're going to try to brighten up -- it is  
20 brightening up the pixels?

21 A. It is brightening up the scene.

22 Q. Well, does that involve brightening up the  
23 pixels?

24 A. Yes, sir.

25 Q. So now you're brightening up the pixels. Does

1 that mean that you're changing the color of the pixels?

2 A. I am brightening up the levels of those pixels,  
3 yes.

4 Q. These are gray scale type of pixels, correct?

5 A. Outside, it was full color, not a problem. I  
6 mean, it is --

7 Q. It's not color film, right?

8 A. No, sir.

9 Q. In fact, before we get too much further in that,  
10 let's talk a little bit about the camera. This is an  
11 infrared motion camera; is that correct?

12 A. It is an IR camera internal of that, yes.

13 Q. Yeah. So tell me how it is that it actually  
14 captures an image?

15 A. It has a chip or a light accepted chip CCD  
16 internal. It takes the levels of the light, transforms it  
17 into a voltage, then makes a video.

18 Q. Takes the level of the light. So that means  
19 that this camera captures an image that is either  
20 reflecting light or emitting light?

21 A. I guess, yes. The light is --

22 Q. You guess? You work for the FBI. What do you  
23 mean you guess?

24 A. Yeah, it collects whatever it is seeing, as far  
25 as the light coming in, and it is changing that light

1 level into a voltage that makes the video.

2 Q. That's what it's recording. It's recording  
3 emitting light and reflected light, correct?

4 A. Yes, sir.

5 Q. That's all it is doing?

6 A. Yes.

7 Q. Because it's dark in there?

8 A. Yes.

9 Q. It's infrared?

10 A. It switches back and forth, but, yeah.

11 Q. So that's what we're capturing. What we're  
12 seeing here is the reflection of either reflective light  
13 or emitting light, right?

14 A. Yes.

15 Q. That's why we see, you know, these bright table  
16 tops in the CineBistro part that look like they're glowing  
17 in the dark, right?

18 A. Yes.

19 Q. Because it's reflecting the light, right?

20 A. Yes, sir.

21 Q. It's not because it's that color, right?

22 A. Correct.

23 Q. On top of that, it's a pretty bad resolution to  
24 begin with, right?

25 A. It is --

1 Q. It is an antique system, right?

2 A. That's --

3 Q. That's one of the premier first systems to come  
4 out?

5 A. Okay.

6 Q. You don't know that?

7 A. It's -- for that time period, it's normal  
8 resolution that I've received many times around 2014.

9 Q. It is a dinosaur; would you agree?

10 A. As it is now, correct.

11 Q. It was a dinosaur then?

12 A. Okay.

13 Q. Okay. So tell me -- continue the enhancement  
14 process?

15 A. So with these, we -- I scale the video to the  
16 project size. And then --

17 Q. What does that mean?

18 A. So I right click and that video fills to the  
19 resolution of my project, my resolution.

20 Q. It fills to the resolution of your project?

21 A. Yes, so the height. So it is going to keep the  
22 aspect ratio, and it's going to continue to the 1080  
23 height.

24 Q. Does that mean that we're adding some pixels?

25 A. It's interpolation, yes.

1 Q. And so we're adding pixels. Let's talk about  
2 adding pixels.

3 How many pixels did you add?

4 A. Whatever the resolution is from 1080 to the  
5 width of this.

6 Q. I want to know. Where are your notes?

7 A. I don't do that, sir.

8 Q. So you could have added 4 million pixels?

9 A. Me, personally, I didn't add pixels. That was a  
10 computation done by Adobe Premier, right click, and then  
11 --

12 Q. My apologies. Adobe Premier added 4 million  
13 pixels to this --

14 MR. MARTIN: Your Honor, I'm going to object to  
15 the testifying. There is no testimony about 4  
16 million. It is just a number he is making up.

17 MR. ESCOBAR: Well, the number is pretty  
18 accurate, but we'll get to it in a second.

19 THE CLERK: Sustain the objection.

20 BY MR. ESCOBAR:

21 Q. How many pixels were added in your process?

22 A. I didn't count.

23 Q. What color were the pixels that were added in  
24 your process?

25 A. I didn't count. I didn't add it. I didn't



1 look. I didn't survey color content.

2 Q. Why would you not note the specific number of  
3 pixels that were added, quote, in your project?

4 A. Because it's an enhancement from the original  
5 video.

6 Q. So you're just saying, Hey, listen, we're just  
7 using Adobe Premier, whatever they want to do with it, I'm  
8 good with it?

9 A. No, sir. It is a -- it is enhancement  
10 capability where I am controlling the project size in 1920  
11 by 1080. Expanding on the 320 by 240 video to the project  
12 size.

13 Q. You don't know how that algorithm works, do you?

14 A. No, sir.

15 Q. So how --

16 A. I don't have that capability.

17 Q. How can you just -- how can you just say, I  
18 don't know how the algorithm works. I don't know how many  
19 pixels are being included in this particular image. I  
20 don't know what color the pixel are. And expect  
21 everything to be just forensically correct?

22 A. It is demonstratively correct.

23 Q. Demonstratively?

24 A. Yes.

25 Q. What does demonstratively correct mean?

1           A.    It is a clear and accurate representation of the  
2 original video as it was recorded that night.

3           Q.    In your eyes?

4           A.    Yes, sir.

5           Q.    Just in your eyes?

6           A.    I was the forensic examiner that processed this  
7 video, yes, sir.

8           Q.    Okay.  So now you have this -- let me ask you  
9 this question.

10                    Would you say that, at the very least, you  
11 increased the pixel count by 50 times?

12           A.    I would have to get a calculator out, sir.

13           Q.    Go ahead.  Listen --

14           A.    I'm not prepared --

15           Q.    I'll give you mine.

16           A.    I'm not prepared to analyze --

17           Q.    I'll give you my calculator --

18           A.    -- the examination content here by pixel count.

19           Q.    You can't do it, can you?

20           A.    I'm not going to right here --

21           Q.    No.  My question is:  You can't do it, can you?

22           A.    I could back in my lab, yes.

23           Q.    What's at your lab that we don't have here?

24           A.    I don't have my forensic examination system  
25 there.  I don't have the software here.  I don't have the

1 original video here in my capability of processing this.

2 Q. Okay.

3 A. So this is a very simple derivative evidence for  
4 demonstrative purposes that view this video for viewing  
5 purposes in court so the jury can identify and view these  
6 actions in a timeline view.

7 Q. Even though the jury and the judge and us, we  
8 all looked at the original proprietary one just perfectly  
9 fine?

10 A. Sir, however which way this is designed and  
11 however which way the lawyers here preserve and view this  
12 is not up to me. I produce the media, if they want to use  
13 it or not, they're welcome to. If they want to go back to  
14 the proprietary, I have no problems with that. I would  
15 prefer that the proprietary is played upright before the  
16 enhanced so you can see the differences in that media.

17 Q. That's because you have more confidence in the  
18 proprietary; isn't that correct?

19 A. The proprietary in 2014 or '15 when I started  
20 doing this, I had no problems.

21 Q. So you have more confidence in that one?

22 A. In that one, yes, and that is an algorithm that  
23 I don't know either.

24 Q. So now you've got the filter that you used, I  
25 may have missed it?

1 A. Levels. Levels adjust.

2 Q. It's a levels adjust?

3 A. Yes, sir.

4 Q. Okay. What else did you do?

5 A. I resized it to the project size. And then --

6 Q. How did you resize it? What did you use to  
7 resize it?

8 A. It's a right click, increased video to project  
9 size. It is a quick offering for Adobe --

10 Q. How does it do that? How does it do that?  
11 Right click? There has to be some software function --

12 A. It's an option --

13 Q. A software company that has developed something?

14 A. I'm trying to answer your question.

15 Q. Okay.

16 A. It's a right click. It's an option in Adobe  
17 Premier where it automatically aligns the video to the  
18 project size.

19 Q. Does it have anything to do with, you know,  
20 those filters that we talked about, Nearest Neighbor,  
21 Bicubic Smoother, any of that? Does it --

22 A. Right now, it's just a viewing. So it's a level  
23 above my project. Until I write it out, there is no  
24 interpolation methodology applied.

25 Q. Okay. But that's coming, right?

1 A. Yes, it is.

2 Q. Is that your next step?

3 A. Once the same process has gone all the way  
4 through to each video, everything is in line, then I  
5 export it out, yes.

6 Q. And you export it out to?

7 A. In this case, a MOV with -- I don't have control  
8 in Adobe Premier in the interpolation process.

9 Q. That's going to be my next question. You have  
10 no idea what this software is using? You don't know if  
11 they're using Nearest Neighbor, Bicubic Smoother, or  
12 whatever, right

13 A. So the last several times that I've been out to  
14 Adobe talking with the engineers and the individuals here,  
15 as far as I understand, in Adobe Premier when they  
16 increase the size, you are using Bicubic.

17 Q. When did you talk to that person?

18 A. I've talked to them over the years.

19 Q. No. When did you talk to that person and have  
20 that conversation?

21 A. Over the last couple of years. I go back and  
22 forth.

23 Q. Well, in 2014, did you talk to anybody that told  
24 you that?

25 A. Not at Adobe, no.

1 Q. I didn't think so.

2 So you didn't know whatsoever what Adobe Premier  
3 did in applying whatever filter Adobe Premier wanted to?

4 A. No, sir. The filters, I apply.

5 Q. But you don't know which one they used?

6 A. You're talking about interpolation?

7 Q. Yeah. Exactly.

8 A. That's not a filter.

9 Q. That's not a filter?

10 A. That's not a filter.

11 Q. That's interpolation?

12 A. Yes, sir.

13 Q. You don't know which one Adobe Premier --

14 A. Again, as I stated, I'm talking with the  
15 engineers over there.

16 Q. In 2014 --

17 A. Previous in 2014. I've been over to the Adobe  
18 complex several times.

19 Q. Yeah.

20 A. In dealing with this stuff several times.

21 Everything that I've understood with the video, they use  
22 bicubic interpolation.

23 Q. What does that mean everything that I've  
24 understood? You either know it because you've studied it,  
25 or you don't know it.

1           Did you study in 2014 how Adobe Premier selects  
2 their interpolation?

3           A.    No, sir.

4           Q.    So you don't know?

5           A.    As I've stated -- I'm trying to state, is that  
6 over the years I've been indicated and told that they use  
7 bicubic interpolation in Adobe Premier.  Photoshop for  
8 images, you have the capability of selecting that.

9           Q.    And over the years means that you had that  
10 conversation two years ago and your work was done in 2014?

11          A.    I've been going to the Adobe complex through  
12 Adobe -- or, excuse me, Natia (phonetic), and those  
13 engineers that come out to the Natia conference I'm  
14 teaching as well.  I've had multiple conversations with  
15 the Adobe engineers.  I've gone out to the complex and  
16 I've talked with these engineers in this capability of  
17 enhancements in this type of --

18          Q.    Mr. Imel, I'm going to ask you one more time  
19 under oath.

20          A.    Yes, sir.

21          Q.    In 2014, did anyone at Adobe tell you the  
22 interpolation method that they were using back in 2014?

23          A.    No, sir.

24          Q.    What did you do next?

25          A.    Exported out the video.

1 Q. What does that mean?

2 A. I exported out the video to the product that I  
3 produced. So it's, literally, you press the export button  
4 and define the export parameters and push it out.

5 Q. And the export parameters?

6 A. The export parameters are very simple whether  
7 you're using audio, whether you're using GPU information  
8 or GPU cards in your computer, the speed of it. Just  
9 general export functionalities for Adobe Premier.

10 Q. So now you have the finished product?

11 A. It is the start of a finished product, yes.

12 Q. So what does that mean the start of a finished  
13 product?

14 A. There were multiple enhancements. Again, there  
15 were three cases come across here --

16 Q. But you did the same thing in all three of them?

17 A. Not necessarily, no.

18 Q. Oh, okay. So that was the first -- the first  
19 work of enhancement?

20 A. Yes, sir.

21 Q. Right?

22 A. Yes, sir.

23 Q. So tell me what you labeled these finished  
24 products? So I would imagine they would have some  
25 specific identifying labels that you would assign to -- I



1 think you called it your project, right?

2 A. There are a multitude of them. I would have to  
3 call back up to get specific -- or names. There are  
4 timelines Camera 11, 12, side by side with the blue  
5 screen --

6 Q. There's no identifying numbers to identify each  
7 product that you finished?

8 A. Well, as far as the HQQ? Yes, sir.

9 Q. Okay. What are they? That is what I am looking  
10 for.

11 A. So on the first one, was a USB storage device.  
12 The identifier is HQQ003432.

13 Q. Okay. Next? Is that it for that project?

14 A. Yes, sir. Well, there were copies produced. So  
15 there were two copies. Then I also produced 42 DVDs.  
16 Basically, the individual videos that were on the hard  
17 drive, they were just put onto individual videos, 14  
18 enhanced videos, onto 42 DVDs. So if an individual wants  
19 to play them on a DVD player, they had that capability.

20 Q. From that project?

21 A. Yes, sir.

22 Q. So are we done with that project?

23 A. For that one, yes, sir.

24 Q. So when did you send that out? I would imagine  
25 to the prosecution, right?

1 A. I sent it to the case agent.

2 Q. The case agent. When was that sent out?

3 A. April 25th.

4 Q. Of?

5 A. 2016.

6 Q. Okay. So now what happens next in your line of  
7 work on this case?

8 A. So I received another request in August of 2016  
9 for more enhancements.

10 Q. Who did you receive that request from?

11 A. The same case agent, James Bucenell.

12 Q. Okay. And what was the reason that you were now  
13 getting a second request for enhancements? Was the first  
14 one not good enough?

15 A. It says here, State Attorney's Office has  
16 requested additional analysis to the videos. Immediate  
17 produced. From the initial analysis, in which I produced.

18 Q. So no reason why, just, Hey, I want more  
19 enhancements?

20 A. Yes, sir.

21 Q. Well, where does that leave you? How do you do  
22 that?

23 A. I discussed the enhancement process with the  
24 prosecutor and he wanted something beyond the initial  
25 timeline.

1 Q. So in addition to getting the information from  
2 this agent, what you're telling me is that you also spoke  
3 to Mr. Martin?

4 A. Yes, sir.

5 Q. Okay. I'm not going to go into your  
6 conversations with Mr. Martin, okay.

7 However, what was your next project? Evidently,  
8 you get a request, you have a project?

9 A. So on the second request, that is where we came  
10 into, if I recall, the side-by-side videos where you are  
11 looking at both edges -- or both directions of the camera  
12 that's coming on either side in alignment so you can see  
13 those in one camera view.

14 Q. Let's stop there for a second, because I don't  
15 want to get too far away from the first project.

16 Did you do any interpolation of individual image  
17 files at all during that first project? We talked about  
18 you certainly enhanced the movies, right?

19 A. Yes, sir.

20 Q. Then my question is: Did you do any individual  
21 files of images in that first project?

22 A. Let's see here. 48 proprietary video files  
23 containing the areas of interest were electronically  
24 processed from which 14 enhanced videos, 8,897 enhanced  
25 images, and 7 image contact sheets were produced.

1           So yes, sir.

2           Q.   Quite a few, right?

3           A.   Yes, sir.

4           Q.   So now let's talk about the enhancement of  
5 images, because that is a little bit different, right?

6           A.   It is a different program.

7           Q.   Because here you get to select what  
8 interpolation method you're going to use, right?

9           A.   Yes, sir.

10          Q.   So you've told us about what you did with the  
11 videos. Tell me how you did the 8,000-plus images. You  
12 call those enhancements as well?

13          A.   Yes, sir.

14          Q.   Okay.

15          A.   So taking those videos into Adobe -- or, excuse  
16 me, Video Focus Pro, I select the images and export them  
17 out into a TIF format.

18          Q.   Okay.

19          A.   That's no compression. So it's 320 by --

20          Q.   I've reviewed your TIFs.

21          A.   Yeah. Let me get to that portion of the notes.  
22 Okay. In the export of -- okay. On Theater Camera 11, I  
23 exported 2,354 TIF images between timeframe 13:18:10 to  
24 13:26:41 from VideoFOCUS Pro. Cropped and centered date  
25 and time. Applied shadows and highlights. So that's a

1 filter.

2 Q. So you applied shadows and highlights. Where  
3 did you decide to apply the shadows and where did you  
4 decide to apply the highlights?

5 A. So that is a Smart Filter, as I've described  
6 earlier, in which I apply to it, and it automatically,  
7 again, through an algorithm --

8 Q. That you don't know, right?

9 A. I'm sorry?

10 Q. Just so we can get by it. An algorithm that you  
11 have no idea how it works?

12 A. Again, I'm not a computer programmer. I did not  
13 write these programs. I did not write the software.

14 Q. Okay.

15 A. I'm a user of the software.

16 Q. Okay.

17 A. I applied the Smart Filter shadows and  
18 highlights --

19 Q. Right.

20 A. -- by adjusting it to 20 and 0.

21 Q. Why did you --

22 A. So the highlights are 20 to 0.

23 Q. -- select 20 and 0?

24 A. Because I can review what the highlights and the  
25 shadows are doing on the image. So I can adjust that. So

1 I had the ability to apply that filter and adjust those  
2 filter contents so that --

3 Q. Did you adjust it?

4 A. Yes, sir. 20 and 0.

5 Q. Did you adjust it further?

6 A. I played around to -- that was my final  
7 adjustment.

8 Q. So what did you adjust it to first, and why did  
9 you end up at that numerical number?

10 A. Because it visually -- so normally when you put  
11 it on there, it automatically adjusts to 35. I thought 35  
12 visually was beyond the point where the filter was  
13 over-applying the highlights, so I reduced it to 20.

14 Q. So it automatically adjusted to 35?

15 A. Correct.

16 Q. So how many adjustments from 35 did you go  
17 through before you selected the 20?

18 A. I don't recall.

19 Q. So tell me what the difference was -- the  
20 particular difference was between the level 35 and the  
21 level 20?

22 A. It didn't. It did not -- the level 20 -- or the  
23 level 35 I thought highlighted too much. So --

24 Q. What was it highlighting that was too much?

25 A. It was oversaturating portions of the video.

1 Q. What was it saturating?

2 A. Visually, it appeared to me that it was --

3 Q. Did you not take any notes as to what it was  
4 oversaturating --

5 A. No.

6 Q. -- so that you could memorialize what you didn't  
7 like about the 35 --

8 A. No, sir.

9 Q. -- and what you did like about the 20?

10 A. No, sir.

11 Q. You never memorialized that?

12 A. No, sir.

13 Q. Do you take notes when you do your examinations?

14 A. I have it right here, sir. That's why the final  
15 product, the final number was 20.

16 Q. Okay. So you did that?

17 A. Yes.

18 Q. What else did you do?

19 A. I changed the mode to grayscale. So I removed  
20 the color from the image.

21 Q. That was probably a good one because it is not a  
22 color video, correct?

23 A. There is color --

24 Q. There's no --

25 A. There is color applied to it. So it is recorded

1 in a color mode. Even though it is a black and white  
2 video, it does shift back and forth. Then because of the  
3 color mode, when you have color effects on there, it can  
4 introduce noise into the system, so I removed that.

5 Q. Just so that we all remember what "noise" means,  
6 what is "noise"?

7 A. "Noise" is just information that is embedded  
8 into the video or the imagery --

9 Q. That shouldn't be there?

10 A. Yes. It impedes the detail of the image.

11 Q. Okay. So what did you do next?

12 A. Increased the -- so I applied interpolation  
13 Bicubic Smoother --

14 Q. Oh, you went right to Bicubic Smoother?

15 A. Yes, sir, for the final output.

16 Q. Well, you haven't told me you applied any other  
17 interpolation method. You went right to Bicubic Smoother?

18 A. Yes, sir.

19 Q. Why?

20 A. Because that was the best one that I thought  
21 was --

22 Q. Well, how do you know since you didn't apply any  
23 of the others?

24 A. That was the best one that I thought that was  
25 applied.



1 Q. How do you know if you did not apply the others?

2 A. That's the first one that I viewed and it was an  
3 accurate representation of what the video was.

4 Q. So you only applied one and said, I'm just going  
5 to stick with this one?

6 A. Correct.

7 Q. Even though another one could have done much  
8 better?

9 A. I don't believe so.

10 Q. You don't believe so?

11 A. No, sir.

12 Q. Well, how do you know that since you didn't try  
13 them?

14 A. So the Nearest --

15 Q. It's not funny.

16 A. The Nearest Neighbor, in my professional  
17 opinion, would not affect this video correctly or these  
18 images correctly because of the stairstepping. I don't  
19 use bilinear because it is a less professional  
20 interpolation than Bicubic Smoother. That is the one that  
21 I used.

22 Q. Okay.

23 A. Bicubic Sharper, we're not reducing the imagery  
24 down. I didn't want to sharpen it. I wanted to smooth  
25 the effects to make it appear a true and accurate

1 representation of the original imagery.

2 Q. So let's talk a little bit about this. So this  
3 interpolation, again, you know, Glenn and I are always  
4 struggling with this forensic area, but this interpolation  
5 now of the images?

6 A. Yes, sir.

7 Q. Did it increase the number of pixels?

8 A. Yes. Increasing the image increases the pixels.

9 Q. Okay. So what's the number of pixels that you  
10 started with, and what is the number of pixels that you  
11 ended up with when you did this Bicubic Smoother?

12 A. I did not write that down.

13 Q. Well, hundreds of thousands more?

14 A. Presumably more.

15 Q. Millions more?

16 A. Possibly.

17 Q. Millions more pixels that were not in that image  
18 when that system recorded that image?

19 A. The pixels are derived from the original  
20 pixels --

21 Q. That's not my question.

22 My question is: Millions more pixels than were  
23 in that particular image when that image was first  
24 recorded by this system; correct or incorrect?

25 A. Correct.

1 Q. Now we're going to go to color. Tell me the  
2 variety of colors of those millions of pixels that were  
3 now inserted into this particular image.

4 Tell me the number of colors of each pixel that  
5 was added during this process?

6 A. Black and white and a variation of black and  
7 white between lower and darker, because I removed the  
8 color.

9 Q. Black, white, and every variation in between  
10 black and white? That's a pretty large number.

11 A. 255.

12 Q. 255. So we have 255 different shades between  
13 black and white?

14 A. I'm sorry, 256. Zero is going to be a number.

15 Q. Shame on you for that one --

16 A. I apologize.

17 Q. 256?

18 A. Yes, sir, from 0 to 255 --

19 Q. And you have no idea where those 256 were placed  
20 within that image?

21 A. Visually, no. I did not go in pixel by pixel  
22 and level or identify the level of each pixel in those  
23 2,000 images, no, sir.

24 Q. So maybe we've got 1 percent of the original  
25 pixels in that image that were still there? Meaning 1

1 percent versus the 4 million --

2 A. That is.

3 Q. The 1 percent of 4 million?

4 A. That is interpolation.

5 Q. That is interpolation?

6 A. Yes, sir.

7 Q. Okay. So what did you do next?

8 A. So after the production of those full frame  
9 images, I cropped each image to 5 by 7, 300 pixels per  
10 square inch in the area of interest and saved those as a  
11 TIF image. Then I produced a single image contact sheet  
12 of the cropped images, and the full image -- full images.

13 Q. Okay. Project Number 2, do you remember we went  
14 back to the images.

15 A. Yes, sir.

16 Q. You probably forgot by now, but we went back to  
17 the image. So your Product Number 2, that was more  
18 enhancements?

19 A. Yes, sir.

20 Q. So were these enhancements of the videos or just  
21 enhancements of the images?

22 A. Let me go back to my report.

23 Q. Yes, sir.

24 A. So the second project was an accumulation of six  
25 enhanced videos. No images were produced.

1 Q. No images at all were produced?

2 A. No, sir. I believe the 8,000-whatever images  
3 produced on the first set were sufficient.

4 Q. So why these videos? Why? Why were they now  
5 enhancing again?

6 A. So the prosecutor asked if I could place the  
7 camera side by side for ease of viewing. So you have  
8 multiple angles and multiple views to show the action  
9 inside the theater.

10 Q. You're talking about Camera Number 11?

11 A. Yes, sir.

12 Q. Do you know where it was in the theater?

13 A. On the side of the wall. Again, I didn't go in  
14 there and I didn't have the image.

15 Q. Do you know what the capability is as far as  
16 distance of that camera was?

17 A. No, sir.

18 Q. Okay. So Camera 11 and Camera 12, the  
19 prosecutor wanted them side by side?

20 A. Yes, sir.

21 Q. Okay. I'm sure that you recognize that both  
22 Camera 11 and Camera 12 were not recording the same  
23 activity? I mean, that's a no brainer, right?

24 A. Right. So when you look at it, one camera would  
25 record and the other one would stop and then go back and

1 four depending on the motion of the video.

2 Q. Okay. So I would imagine you used -- I'm not  
3 going to belabor this point concerning interpolation, but  
4 you used the same process that we've just talked about in  
5 the first project?

6 A. Yes, sir.

7 Q. Nothing different, right?

8 A. I aligned the camera so they are playing in sync  
9 with each other. So when you're seeing one view and the  
10 other, they're both in synch.

11 Q. So what I talked about before --

12 A. Exactly the same, yes, sir.

13 Q. So all my questions of the first project you  
14 would answer the same?

15 A. Yes, sir.

16 Q. Did you have a third project?

17 A. Yes, I did.

18 Q. Okay. So what was the third project?

19 A. The third project was identify the reflective  
20 material that we see in different spots.

21 MR. ESCOBAR: I don't think that's part of this  
22 motion; am I correct?

23 MR. MARTIN: It is not.

24 BY MR. ESCOBAR:

25 Q. So we are not going to belabor that point.

1 They've conceded that point. We are done with that.

2 A. Yes, sir.

3 Q. Did you do anything else?

4 A. Not that I recall, no.

5 Q. That was the extent of your work in this matter?

6 A. Yes. The production of the enhanced video, the  
7 enhanced images --

8 Q. Let's go to -- I'm almost done, I promise you.

9 MR. ESCOBAR: Can I have State's Exhibit 9  
10 through 14, please, Madam Clerk?

11 May I approach?

12 THE COURT: Yes, you may.

13 BY MR. ESCOBAR:

14 Q. In direct examination the prosecutor indicated  
15 that you had been asked to provide him with some authority  
16 for your process of enhancing videos and images, correct?

17 A. Authority?

18 Q. Yeah. I mean, do you not consider Defense --  
19 excuse me, State's Exhibit --

20 A. Oh, okay.

21 Q. -- Number 9 authority?

22 A. You're talking about the books and the articles  
23 and whatnot.

24 Q. Yeah. What do you consider those? I guess you  
25 don't consider them authority. What do you consider them?

1 A. Material that is relevant to interpolation.

2 Q. Just like chitchat?

3 A. No, they're forensic files.

4 Q. Well, the first one, if my notes are correct,  
5 the first one comes from a blog.

6 A. That's --

7 Q. Excuse me, Number 9.

8 A. Number 9?

9 Q. Yes.

10 A. May I see Number 9?

11 Q. Did that come from a blog?

12 A. The book is right there.

13 Q. So it didn't come from a blog? So in direct  
14 examination with Mr. Martin, you didn't indicate that the  
15 information from that came from a blog?

16 A. A blog?

17 Q. Yeah, a blog. I wrote it down. "Blog" in  
18 quotes?

19 THE COURT: I thought he said book.

20 THE WITNESS: Book. I don't remember ever  
21 saying blog.

22 BY MR. ESCOBAR:

23 Q. That's a book.

24 A. Yes.

25 Q. Do you know if that book is peer-reviewed?



1 THE COURT: Excuse me, I hate to interrupt, I  
2 really do, but just to be clear, State's Exhibit  
3 No. 9 is the Forensic Photoshop, A Comprehensive  
4 Imaging Workflow for Forensic Professionals?

5 MR. ESCOBAR: That's what it looks like.

6 THE COURT: I did hear the word "book," but, I  
7 mean, was it a blog? I want to make sure I'm getting  
8 the correct information.

9 MR. MARTIN: I have no idea.

10 THE WITNESS: The book is right there.

11 BY MR. ESCOBAR:

12 Q. Okay. So that's a book?

13 A. The book is right there, sir.

14 Q. So do you know if that book has been reviewed by  
15 anyone?

16 A. I don't know. It's a published book, I'm sure.

17 Q. Do you know this gentleman that is the author of  
18 this particular paper?

19 A. Yes, sir. Actually, he taught Amped Five with  
20 one of my courses in the past.

21 Q. Okay. So he taught you or he taught somebody  
22 else?

23 A. He taught a -- the examiners in my office at the  
24 time.

25 Q. An examiner in your office?

1           A.    Examiners.  He taught a course on Amped Five in  
2 my office.

3           Q.    So my question to you is:  Did you do any  
4 research on him to determine his level of proficiency?

5           A.    He is an instructor for Amped Five.  He's dealt  
6 with forensics for some time now.  I've talked to him on  
7 several occasions.  I haven't done any background research  
8 on him, no.

9           Q.    Number 10.  Do you know that particular author?

10          A.    I do not.

11          Q.    Okay.  Have you done any work to determine his  
12 proficiency in what he is writing about?

13          A.    No, sir.

14          Q.    Number 12.  Do you know him?

15          A.    Yes, sir, I do.

16          Q.    Tell me how you know him.

17          A.    He has taught several courses that I've  
18 attended.  I've worked on several cases that he has also  
19 worked on.  He comes to my office quite regularly to  
20 teach.  I know his employer.  I've known him for quite  
21 some time.

22          Q.    That article is really a discussion about  
23 printing, right?

24          A.    For this particular one, yes.

25          Q.    It's for printing?

1 A. Yes.

2 Q. Okay.

3 A. I have talked to him about interpolation and  
4 everything else in the past, but this particular article  
5 was printing.

6 Q. It was printing?

7 A. Yes.

8 Q. Exhibit No. 14. Do you know that author?

9 A. I do not.

10 Q. Have you done any research on that author?

11 A. I have not.

12 Q. So you don't know the proficiency of that  
13 gentleman either?

14 A. No, sir.

15 Q. Do you even know if that's been peer-reviewed?

16 A. No. I received a paper from a colleague on  
17 interpolation.

18 Q. No. 13, the same questions?

19 A. The same answers.

20 Q. Okay. And last but not least, No. 11. It says,  
21 The Image Processing Handbook by John C. Russ.

22 Do you know that gentleman?

23 A. No, sir, I do not.

24 Q. Have you done any research on that gentleman?

25 A. No, sir, I have not.

1 Q. Did you even look at any other chapters that  
2 possibly he had written? Is it a book?

3 A. It is a book. The book is right over there.

4 Q. And you have it?

5 A. Yes.

6 Q. Did you read the book?

7 A. I didn't read the book cover to cover, no.

8 Q. Well, did you at least examine the book to see  
9 if maybe other areas of the book could enlighten you as to  
10 the proprietary or the correctness of using these  
11 interpolaters in a forensic setting?

12 A. I looked for the interpolation methodologies  
13 within the book, and then copied those over for the  
14 prosecutor.

15 Q. Okay. But certainly, if you gave -- especially  
16 this last one by Mr. Russ you gave that to the prosecutor  
17 so that he could use it here at this hearing for  
18 presentation of information, correct?

19 A. I wanted to show throughout a proportional books  
20 and information that throughout -- even the image or the  
21 information that you had given me on your side, that  
22 throughout this Bicubic and then Nearest Neighbor have the  
23 same effects, and all of them, generally, say the same  
24 thing.

25 Q. How much time did you spend on this research

1 project?

2 A. That one right there, a couple of days. We're  
3 actually setting up, again, for the scientific working  
4 groups a paper on this. I have an individual who is doing  
5 full research on it, has a multitude of different research  
6 projects going on right now to write the paper for the  
7 scientific working group.

8 Q. So are you telling me that this other individual  
9 was the one who did the research for you?

10 A. No, sir. I'm saying I did that. That's been  
11 expanded on as we speak right now.

12 Q. And in those two days that you spent doing  
13 research, how many articles did you read that said just  
14 the opposite, that you should not use Bicubic Smoother in  
15 a forensic setting?

16 How many articles did you read that said that?

17 A. I don't recall seeing anything stating that, in  
18 the forensic setting, to not do that. I keep saying that  
19 the choice is with the examiner, as I've learned  
20 throughout my last 20 years doing this. That my choice is  
21 my job. And throughout this, the Nearest Neighbor is the  
22 most simplest of algorithms to use and it does interject  
23 anti (indiscernible) and noise into the system which is  
24 not required and not needed.

25 MR. ESCOBAR: Your Honor, I have no further

1 questions.

2 THE COURT: Thank you. Any redirect?

3 MR. MARTIN: No, Your Honor.

4 THE COURT: May this witness be excused?

5 MR. ESCOBAR: Yes.

6 THE COURT: Thank you so much.

7 THE WITNESS: Thank you.

8 (Requested excerpt of testimony was concluded.)

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

C E R T I F I C A T E

STATE OF FLORIDA:

COUNTY OF PINELLAS:

I, CHARLENE M. EANNEL, RPR, certify that I was authorized to and did stenographically report the foregoing proceedings; and that the transcript is a true record of the proceedings.

I further certify that I am not a relative, employee, attorney or counsel of any of the parties nor am I a relative or counsel connected with the parties' attorneys or counsel connected with the action, nor am I financially interested in the outcome of the action.

DATED this 30th day of January, 2022.

/s/ Charlene M. Eannel, RPR

CHARLENE M. EANNEL, RPR